

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

BEFORE THE OFFICE OF TAX APPEALS

STATE OF CALIFORNIA

IN THE MATTER OF THE APPEAL OF,)
REGENTS OF THE UNIVERSITY OF) OTA NO. 19064889
CALIFORNIA,)
APPELLANT.)
_____)

Transcript of Proceedings, taken at
12900 Park Plaza Dr., Suite 300, Cerritos,
California, 91401, commencing at 10:00 a.m.
and concluding at 11:24 a.m. on Wednesday,
August 19, 2020, reported by Ernalyn M. Alonzo,
Hearing Reporter, in and for the State of
California.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

Panel Lead: ALJ NGUYEN DANG

Panel Members: ALJ JOSHUA ALDRICH
ALJ ANDREW WONG

For the Appellant: JACOB BHOLAT

For the Respondent: STATE OF CALIFORNIA
DEPARTMENT OF TAX AND
FEE ADMINISTRATION

CHAD BACCHUS
SCOTT CLAREMON
JASON PARKER

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

E X H I B I T S

(Appellant's Exhibits 1-6 were received at page 7.)

(Department's Exhibits A-G were received at page 7.)

P R E S E N T A T I O N

| | <u>PAGE</u> |
|----------------|-------------|
| By Mr. Bholat | 7 |
| By Mr. Bacchus | 23 |

C L O S I N G S T A T E M E N T

| | <u>PAGE</u> |
|---------------|-------------|
| By Mr. Bholat | 44 |

1 Cerritos, California; Wednesday, August 19, 2020

2 10:00 a.m.

3

4 JUDGE DANG: Good morning everyone.

5 We're opening the record in the appeal of the
6 Regents of the University California before the Office of
7 Tax Appeals. The case number is 19064889. It is
8 currently 10:00 a.m. on August 19th, 2020.

9 Consistent with the Governor's Executive Order
10 Number 25-20 to reduce and minimize the spread and risk of
11 Corona virus infection and with the agreement of the
12 parties, this hearing is being conducted via Webex Video
13 Conferencing.

14 Today's case is being heard and decided equally
15 by a panel of three judges. My name once again is Nguyen
16 Dang, and I will be the lead judge for purposes of
17 conducting this hearing. Also on the panel with me today
18 are Judges Andrew Wong and Joshua Aldrich.

19 At this time will the parties please state their
20 appearances, beginning with Appellant.

21 MR. BHOLAT: This is Jacob Bholat representing
22 the Appellant.

23 JUDGE DANG: Thank you. And CDTFA.

24 MR. BACCHUS: Chad Bacchus with the Department.

25 MR. CLAREMON: And Scott Claremon with the

1 Department.

2 MR. PARKER: And Jason Parker with the Department
3 also.

4 JUDGE DANG: Thank you.

5 As previously discussed at the prehearing
6 conference, the sole issue presented in this case is
7 whether CardioGen-82 is an exempt medicine.

8 Mr. Bholat, is that correct?

9 MR. BHOLAT: That's correct.

10 JUDGE DANG: Thank you.

11 And CDTEFA, is that correct?

12 MR. BACCHUS: Yes, that is correct.

13 JUDGE DANG: Thank you.

14 Prior to the hearing today, the parties were
15 provided with a copy of the Exhibit hearing binder for
16 this appeal. The binder contains Appellant's Exhibits 1
17 through 6 and Respondent's Exhibits A through G as we have
18 received them.

19 Mr. Bholat, did you have a chance to review that
20 hearing binder? Does it appear correct to you?

21 MR. BHOLAT: Yes, it does. Thank you.

22 JUDGE DANG: And do you have any objections to
23 the admission of this hearing binder into evidence?

24 MR. BHOLAT: No, I do not.

25 JUDGE DANG: Thank you.

1 And CDTFA I'm going to ask you the same
2 questions. Did you receive the binder? Any issues with
3 it?

4 MR. BACCHUS: Chad Bacchus with CDTFA. Yes, we
5 received the hearing binder, and we do not object to any
6 of the exhibits.

7 JUDGE DANG: Great. Thank you.

8 Hearing binder containing the parties' exhibits
9 for the appeal is now admitted into evidence.

10 (Appellant's Exhibits 1-6 were received
11 in evidence by the Administrative Law Judge.)***

12 (Department's Exhibits A-G were received in
13 evidence by the Administrative Law Judge.) ***

14 Okay. Mr. Bholat, if you're ready to begin with
15 your presentation, you will have 15 minutes.

16 MR. BHOLAT: Thank you.

17

18 PRESENTATION***

19 MR. BHOLAT: Again, my name is Jacob Bholat. I
20 am representing the Appellant. Thank you for the time and
21 opportunity for us to present our case before the Office
22 of Tax Appeals.

23 As you stated earlier the Department's decision
24 to ignore FDA's direct classification and the incorrect
25 application of historical rulings remains our single

1 disagreed issue with the appeals decision dated, May
2 2nd, 2018. This disagreement stems from the purchase of a
3 drug product made by UCSF Medical Center from Bracco
4 Diagnostics. The drug is marketed under the name
5 CardioGen-82. I will refer to it as RB-82, which is the
6 actual drug name, for this presentation.

7 This drug meets the requirements of an exempt
8 medicine as a preparation substance when carefully
9 examined under Section 6369 and Regulation 1591. Our
10 submissions and exhibits provides the panel with a logical
11 basis of how this product falls squarely within the
12 definition of an exempt medicine, and why the Department
13 misses the mark on just trying to rely on rulings. They
14 are completely unrelated to how RB-82 is used, sold, and
15 injected into the patient.

16 First, I would like you to refer to Exhibit A and
17 B, specifically, pages 104 to 107 in the package, which
18 provide FDA approved published information about the drug
19 and support the following undisputed facts. The FDA
20 recognizes CardioGen as an approved injectable radioactive
21 drug with an active ingredient of Rubidium RB-82 Chloride
22 manufactured by the exact retailer for the questioned
23 transaction.

24 The drug is sold with the following component:
25 Medication, which is absorbed on a stannic side strip used

1 to hold the drug for delivery; a protective container that
2 encloses the drug and used for storage and handling for
3 the medicine; and an internal components to allow saline
4 in and out of the container and to elute or absorb by
5 means of a solvent, which is a key term, medication from
6 the container via saline solution. The saline solution,
7 along with the RB-82 drug inject into the patient.

8 The drug has a dosage life maximum of either
9 17-liters of saline passing through it, or 42 days from
10 initial, or when it reaches a minimum level, whichever
11 occurs first. After that, the medicine is no longer
12 useful and must be disposed of, all within a maximum of
13 42 days. There is no mechanical, chemical, or other
14 physical process within the container; nor is there any
15 means of making a new product. It's simply absorbed by
16 the saline as it passes through the drug container just
17 before injection into the patient.

18 The Department in their decision sites and rely
19 on two different annotations; annotation 425.0771 and
20 425.0765 in determining their tax treatment. Annotation
21 425.0771 from 1994 relies on Annotation 425.0765, which
22 was from 1977. 425.0071 doesn't provide any real basis
23 for logic around the decision, other than referring to the
24 1977 decision. Both of these decisions predates the
25 revision of Regulation 1591 where Section A9A was added to

1 rely on FDA classification purposely. They are the
2 medical experts and provide guidance to the industry,
3 which is why Regulation 1591 was updated to help taxpayers
4 and the Department.

5 Now, let's look carefully at the logic behind
6 Annotation 425.0764 and why it should not be used here.
7 This ruling equates to question products which have a
8 half-life of several decades to X-rays and sunlight.
9 These items physically generate a new substance, X-rays,
10 ultraviolet light.

11 These items do not contain the final medicine
12 injected into the patient. There's a conversion process.
13 These items have a useful life of many, many years or
14 decades. Thus, those question products in that ruling
15 physically generate a new substance over an extended
16 period of time and are reusable, and they should remain
17 taxable.

18 RB-82 on the other hand, is the final radioactive
19 drug with a finite amount of medication in the delivery
20 container. There is no physical, mechanical, chemical, or
21 any other process of producing a new substance within the
22 container. Rather, the RB-82 drug is stored and then
23 eluted or absorbed by the saline, which is then injected
24 into the patient.

25 This process was established by the manufacturer

1 for a safe storage transfer and delivery of a dangerous
2 radioactive drug when exposed to the public. This process
3 is completely different from the annotation cited by the
4 Department. The panel -- this panel should rely on the
5 FDA classification. They are the experts, not the
6 Department.

7 Now, I would like to refer to Exhibit C,
8 page 17 -- oh, sorry -- page 119. This illustrates how
9 both the RB-82 drug and other accepted intravenous drugs
10 are delivered to patients. The first illustration on the
11 top shows how RB-82 products is delivered. The only item
12 in question is within the red square. All the other items
13 are purchased separately.

14 The actual medication is within the yellow
15 rectangle inside that red square on the top of the page.
16 The saline simply goes in, dilutes or absorbs the
17 medication, and then is injected directly into the
18 patient. Again, there are no other changes, events, or
19 other steps in this process.

20 The second illustration on the bottom shows how
21 the normal event of an intravenous drug is delivered
22 through a meter. Primary A would normally be the saline,
23 and secondary B would normally be the exempt drug. Again,
24 that is also in the red box. The saline in this bottom
25 illustration is also mixed with the drug for safe metered

1 delivery. While the mechanics are slightly different due
2 to the handling of the drug RB-82, the illustration shows
3 no substantial difference.

4 Both drugs are stored in a container or a bag,
5 have a connection to the IV line and are mixed into the
6 saline diluted for safe delivery, and then injected into
7 the patient. The Department never tried to argue that the
8 bag of medicine is taxable. There is 100 percent
9 certainty that those items qualify as exempt medicine.

10 RB-82 is exactly like a regular bag of medicine.
11 However, due to the dangerous nature of the radioactive
12 drug, the handling and delivery have to be modified for
13 safety. This slight difference does not make this product
14 different or taxable. Instead, it is a slight
15 modification to an exempt bag of medicine.

16 Finally, we have provided Exhibits D and E to
17 provide rulings, definitions, and other relevant
18 information as you work through your analysis. This area
19 remains complex for audit. I humbly request that the
20 panel redirect the Department to rely on expert FDA
21 information, rather than trying to go it alone.

22 I would like to conclude and ask the panel to
23 take a fresh look at this case. Historically, the Board
24 served as a great avenue for taxpayers to present
25 alternate perspectives. I hope the OTA will continue this

1 tradition. I also ask for consideration for precedential
2 treatment so that the Department is guided to look to the
3 FDA first and not try to ignore without any basis.

4 This concludes my opening statement. I'll try to
5 answer any questions to the best of my ability. Thank
6 you.

7 JUDGE DANG: Thank you, Mr. Bholat.

8 At this time I'd like to ask my co-panelists if
9 they have any questions for you, beginning with
10 Judge Aldrich.

11 JUDGE ALDRICH: I don't have any questions at
12 this time. Thank you.

13 JUDGE DANG: Judge Wong, do you have any
14 questions?

15 JUDGE WONG: I do have a few questions.
16 Mr. Bholat, the first question is what is Strontium SR-82?

17 MR. BHOLAT: Sorry. Pardon me. Can you repeat
18 that question? I couldn't hear you. I forgot I had it on
19 mute.

20 JUDGE WONG: Sure. This is Judge Wong. My
21 question is what is Strontium SR-82?

22 MR. BHOLAT: Strontium SR -- so when the
23 manufacturer makes the product, they have a process where
24 they create the medicine, and then they store it on that
25 strip of oxide within the container. So when they -- when

1 they give it a scientific name, they got to -- they've got
2 the name of the SR-82, which is ultimately the RB-82. So
3 what happens is, as the drug pass goes through it, it
4 picks up the drug, and that's injected.

5 JUDGE WONG: So is Strontium 82 the same as --
6 you said -- is it the same as Rubidium chloride RB-82?
7 What's the difference, or are they the same?

8 MR. BHOLAT: So the -- as the RB -- as the SR,
9 the Strontium, is actually placed in the container, it
10 is -- it is a -- it is the same drug. It is just in a
11 more stable format of that drug. So it'll last longer
12 within the container. Once the saline goes in and picks
13 it up, what happen is it picks up a very small amount,
14 which is RB-82. Because it is a -- because, obviously,
15 you can't deliver a huge amount of radiation to the
16 patient.

17 So as it picks up the RB-82 or the -- yeah, the
18 RB-82, that's the drug that's actually injected into the
19 patient. So it's actually just running through a
20 filter -- or through the system where it's absorbing it.
21 And it's absorbing it through -- through within the
22 container. The SR-82 is what holds the RB-82.

23 JUDGE WONG: So SR-82 holds the RB-82?

24 MR. BHOLAT: Correct.

25 JUDGE WONG: This is Judge Wong again. So when

1 the CardioGen-82 is delivered to your client, it contains
2 the Strontium 82 or the Rubidium chloride?

3 MR. BHOLAT: The RB-82 and the SR-82 is one and
4 the same. It's just a different format. It's like,
5 basically, when you buy a drug and when the pharmaceutical
6 company may buy a drug, they might -- they'll buy a large
7 volume of it, or they'll buy a bigger component of it.

8 And then they will want to titrate it and bring
9 it down to a deliverable amount where they can deliver it
10 to the patient. So the SR-82 is the bulk amount, and the
11 RB-82 is titrated metered down amount of what is delivered
12 to the patient.

13 JUDGE WONG: This is Judge Wong. Could you
14 explain what titration means?

15 MR. BHOLAT: So titration is -- so when --
16 titration is basically a process where the medical
17 professional is going to determine how much medication,
18 what dosage level they're going to deliver to the patient.
19 So they receive it at -- they buy it at a certain volume
20 of potency. And when they deliver it, they don't want
21 it -- they obviously don't want to deliver the potent
22 medication because then it would do more damage than good.

23 So what they have to do is they mix it with the
24 saline to get it down to portions that's deliverable to
25 the patient. If you look at the illustration we gave you

1 on Section B, the same thing happens with the drug. The
2 drug is mixed in with the saline, and then it is basically
3 metered down to a safe and deliverable amount.

4 If too much is given, then what happens is it can
5 actually damage the veins in the process of delivering
6 medication because it would expose the body to more
7 chemical than it can take.

8 JUDGE WONG: Okay. Thank you. I just have one
9 more question about your Exhibit E. That is the -- it
10 seems to contain a container theory of non-taxability.
11 Can you elaborate on that?

12 MR. BHOLAT: Can you repeat that? I didn't quite
13 understand.

14 JUDGE WONG: Sorry. Look like 1589 -- sorry. It
15 looks Exhibit E involves, like, some sort of container
16 theory of relating to Regulation 1589. Can you elaborate
17 on that theory?

18 MR. BHOLAT: So there was -- included in the
19 package of exhibits, there are little rulings that
20 basically says a container takes the characteristics of
21 the product within what is sold. So if I have a set
22 medicine that's included in a container, and I have a bag
23 and I have tubes and connection devices to it in order to
24 deliver the medication, those other nonmedication
25 container items don't change the taxability of the

1 product.

2 What happens is the product inside is exempt.
3 Therefore, the container takes the characteristic of the
4 product and remains exempt. And that actually -- the
5 ruling that I provided actually goes even further. It
6 said even if an item is preloaded and implanted or
7 injected into the patient, even if it's an instrument,
8 those items are exempt.

9 And that has been historically proven with
10 various products from staplers to stents being implanted
11 to a lot of different products. I think the Department
12 has always allowed those types of things, and has ignored
13 the container as a nonissue when determining the tax
14 treatment.

15 JUDGE WONG: I have no further questions at this
16 time.

17 JUDGE DANG: This is Judge Dang speaking. Just a
18 few brief questions for you, Mr. Bholat. I was listening
19 to your explanation to Judge Wong's question regarding the
20 relationship between Strontium SR-82 and Rubidium -- I'm
21 sorry -- RB-82. And I'm wondering, as I was looking
22 through Exhibit B, which I believe was the product data
23 information sheet, I wasn't able to find any support for
24 that explanation that you had given Judge Wong.

25 I'm wondering if there's any language in that

1 data sheet you can point to that might explain the
2 relationship between SR-82 and RB-82?

3 MR. BHOLAT: So I'm looking. There's a long --
4 I'm trying to find a --

5 JUDGE DANG: This is Judge Dang again. I just
6 don't have technical expertise in this area. And at least
7 upon my reading, it appears that SR-82 might be some type
8 of reagent -- more similar to reagent than the actual, you
9 know, drug that's injected into the patient. And I
10 believe you mentioned earlier that --

11 MR. BHOLAT: So --

12 JUDGE DANG: I'm sorry. Let me finish. And I
13 believe the reason for that is because of the highly -- as
14 you mentioned earlier, the highly radioactive nature of
15 SR-82 as compared to CB-82.

16 MR. BHOLAT: This is Jacob. That is correct.
17 What happens is that radio -- as you may know, and we're
18 not experts here. But radiation has what's called a
19 half-life. And so what a half-life is, basically, the
20 amount of time that it stays in the current format that
21 it's in.

22 So when radiation is delivered to a patient, they
23 want to have it as titrated down as possible. And they
24 want it to be in the body as -- for as minimal amount of
25 time as possible for the safety of the patient.

1 So when they manufacture the product, they have
2 to manufacturer the medicine to the point where it can be
3 packaged, delivered to the medical facility, stored with
4 the medical facility ready for usage, and then be able to
5 inject into the patient. So the SR-82 is a longer termed
6 version of the same version of the RB-82. So the SR-82
7 has a half-life of I think a couple of weeks or something
8 like that. It may be a few months.

9 The RB-82, when it's titrated out, has a
10 half-life of a few minutes. Basically, what happens is
11 that because it's titrated down so much, it doesn't have a
12 longer life. Now, I would have to go through and -- I
13 mean, it's a very complex analysis that has to be put
14 together. I'm sure I can go through and find and provide
15 cites and information to provide that information to you.
16 But I would probably have to do it after the fact.

17 JUDGE DANG: This is Judge Dang speaking. I
18 guess my confusion when I'm looking at this data sheet is
19 I'm unable to see whether or not this is more similar to,
20 say, diluting the product down, which case it's the same
21 product just more in a diluted form versus you've now
22 changed the chemical substance that's being injected into
23 the patient.

24 Is there anything -- you can take a minute if you
25 would like. Is there anything in this data sheet or any

1 of the other exhibits that you submitted that might
2 indicate it's the former rather than the latter?

3 MR. BHOLAT: So if you go to page 109, when you
4 go to the testing process, it says -- on the middle of the
5 page, it says when they're going through and they are
6 evaluating, they're testing the material before the -- so
7 they have to -- the person who is doing the delivery has
8 to evaluate the level of medicine in the product, and also
9 has to make sure the product is safe for use for the
10 patient and has enough medication.

11 So they go through a testing process. And it
12 goes through the formula of how the conversion happens or
13 how the dilution and titration happens. And it provides
14 information of how the relationship of the SR-82 and RB-82
15 is. There's a lot of information in here on that
16 conversion. I'm not sure that it answers the exact
17 question of what you're looking for.

18 If you go down to page 110, it gives you the
19 mathematical formula of the conversion of what the limits
20 are. It also, on page 110, provides you the physical
21 decay chart of how long the product decay from RB-82 to
22 SR-82 and how long RB-82 is usable out in the open.

23 In the container it has a longer life because
24 it's stored, it's sealed. It's in a leaded container.
25 Once it's outside of that container, now all of the

1 elements within the air and everything else increases that
2 dissipation or radioactive process.

3 I'm not sure that answers your question, but
4 there's a lot of information in this FDA published
5 information. And if you go back to -- and I would go back
6 to Exhibit A. When you look at the actual FDA product of
7 how it's setup, they're looking at -- when they define --
8 first of all, they have it classified in the Orange Book,
9 which is where they keep all of their drugs.

10 They have it classified as the active ingredient
11 of Rubidium RB-82. They have the product name as
12 CardioGen-82. That's the name of the prescription.
13 Again, it is defined by the FDA as an injectable
14 injection. Not defined in the FDA as a piece of equipment
15 or some type of reagent as you said or anything like that.
16 It is defined specifically as an injectable injection as a
17 drug within the Orange Book, which is how they -- where
18 they classify their drugs.

19 JUDGE DANG: Thank you. This is Judge Dang
20 again. And that leads me to my final question for you,
21 Mr. Bholat. Were you able to find anything under the
22 rule-making file or any legal authority perhaps that
23 suggest that we should be applying the FDA definition of
24 drug here rather than, I guess, the plain and ordinary
25 meaning of the word drug?

1 MR. BHOLAT: Well, I think the primary place
2 you're going to look at is 1591A9A where it tells you that
3 the FDA should be used for identifying what an exempt
4 medicine is. And that language was added much later to
5 these rulings; more recently, actually, and probably
6 within the last few years. And that language was added
7 because the Department consistently wanted to ignore the
8 FDA.

9 And there were -- the actual issues in that
10 particular revision was related to cosmetic implant. And
11 what happened was a lot of times cosmetic implants are
12 used because -- for reconstructive surgery. And the
13 Department was always saying, no those items are not
14 exempt because it's cosmetic. It's not -- there's no
15 medical purpose.

16 And the revision was added to say, no Department,
17 you can't make that decision. That decision rule should
18 be made by the FDA. And in that scenario and in that
19 situation, the Department was instructed to look at the
20 FDA first. So that's probably the strongest area that I
21 would rely on.

22 JUDGE DANG: This is Judge Dang. Thank you for
23 your responses.

24 Co-panelists, did you have any questions before
25 we turn it over to CDTF? Judge Aldrich?

1 JUDGE ALDRICH: Not at this time. Thanks.

2 JUDGE DANG: Thank you. Judge Wong?

3 JUDGE WONG: This is Judge Wong. I have no
4 questions at this time.

5 JUDGE DANG: Okay. Thank you.

6 And CDTF, if you're ready to begin you'll have
7 15 minutes for your presentation.

8 MR. BACCHUS: Thank you.

9

10 PRESENTATION***

11 MR. BACCHUS: This is Chad Bacchus with the
12 Department.

13 This hearing involves two separate and distinct
14 items. The first is CardioGen-82, which is a medical
15 device that generates Rubidium RB-82 Chloride. And the
16 second is Rubidium RB-82 Chloride, which is a chemical
17 compound that's injected into the human body. Throughout
18 my presentation I'll refer to the first as CardioGen-82
19 and the second as Rubidium chloride.

20 According to the prescribing information sheet
21 found in Exhibit D, CardioGen-82 is a closed-system used
22 to produce Rubidium chloride for intravenous use.
23 Exhibit D also explains that Rubidium chloride is a
24 radioactive diagnostic agent indicated for Positron
25 Emission Tomography imaging.

1 The information sheet also states that the
2 CardioGen-82 should only be used with a specific infusion
3 system designed for use with CardioGen-82 and capable of
4 accurate measurement in delivery of doses of Rubidium
5 chloride. The CardioGen-82 safety data sheet found in
6 Exhibit E -- I should say the Department's Exhibit E --
7 states that CardioGen-82 contains Strontium SR-82 and
8 SR-85, which are absorbed on a hydrous stannic oxide
9 column, which is encased in a lead shield and surrounded
10 by a plastic container.

11 Strontium SR-82 has a half-life of 25 days, and
12 Strontium SR-85 has a half-life of 65 days. When sterile
13 pyrogen-free sodium chloride injection is used to elute
14 the CardioGen 82, the diagnostic agent Rubidium chloride
15 is created. The Rubidium chloride decays with a physical
16 half-life of 75 seconds. Once the Rubidium chloride is
17 produced, it is injected into the patient to evaluate
18 myocardial perfusion. Which is to say it helps detect
19 coronary failure.

20 Revenue and Taxation Code Section 6369, which is
21 interpreted and implemented by regulation 1591, exempts
22 from sales and use tax gross the receipts from the sale of
23 and the storage use or consumption of medicines.
24 Regulation 1591(a)(9)(A) states that, "Medicine means any
25 product fully implanted or injected in the human body or

1 any drug when such is approved by United States Food and
2 Drug Administration to diagnose, cure, mitigate, treat, or
3 prevent any disease, illness, or medical condition
4 regardless of ultimate use."

5 (a) (9) (B) states that, "Medicines also include
6 any substance or preparation intended for use by external
7 or internal application to the human body in the
8 diagnosis, cure, mitigation, treatment, or prevention of
9 disease and which is commonly recognized as a substance or
10 preparation intended for that use."

11 Regulation 1591(c) (2) states that, "The term
12 medicines does not include articles that are in the nature
13 of instruments, apparatus, contrivances, appliances,
14 devices, or other mechanical or physical equipment or
15 article or the component parts and accessories thereof."

16 Regulation or -- Regulation 1591(b) gives some
17 exceptions to this general rule for devices that do meet
18 the definitions of medicines, including permanently
19 implanted devices, prosthetic devices, orthotic device,
20 and programmable drug infusion devices.

21 Initially, while we want to acknowledge that we
22 are not scientist, and we are not doctors; so we must rely
23 on the information provided by the manufactures of these
24 products to help us determine whether an item qualifies as
25 a medicine under the sales and use tax law.

1 Based on the information provided by the
2 manufacturer here, which is Bracco, and can be found in
3 Department's Exhibits D and E. An external saline
4 solution, meaning a solution that is not contained within
5 the CardioGen-82, is introduced into the CardioGen-82 and
6 reacts with the radioactive material housed inside the
7 CardioGen 82. Which as we've heard, is the Strontium
8 SR-82 and SR-85.

9 Now, this creates a new chemical compound of
10 Rubidium chloride. It is the Rubidium chloride that is
11 injected into the human body for the purpose of diagnosis.
12 There is no dispute that the Rubidium chloride itself
13 qualifies as a medicine, because it is a substance that is
14 fully injected into the human body for the purpose of
15 diagnosing a medical condition.

16 However, it's not the sale or use of Rubidium
17 chloride that is at issue in this appeal, rather, it is
18 the sale of the CardioGen-82. As for the CardioGen 82, we
19 note that the manufacturer information states only that
20 the CardioGen 82 is a generator of Rubidium chloride. The
21 CardioGen 82 houses one component of the compound needed
22 to create the exempt Rubidium chloride.

23 Therefore, it's a necessary part of the process
24 of producing the exempt medicine, but by itself does not
25 meet the definition of a medicine. The CardioGen 82 is

1 not fully implanted or injected into the human body and is
2 not a substance or preparation intended for external or
3 internal application to the human body. The CardioGen 82
4 is a medical device that's not permanently implanted and
5 is not a prosthetic, orthotic, or a programmable infusion
6 device.

7 Therefore, the CardioGen 82 is excluded from the
8 definition of medicines under Regulation 1591(c)(2).
9 Regulation 1591(a)(9)(A) does state that, "Medicines
10 include any drug or biologic when such is approved by the
11 United States Food and Drug Administration to diagnose,
12 cure, mitigate, treat, or prevent any disease, illness, or
13 medical condition.

14 We acknowledge that the Food and Drug
15 Administration has approved a drug named CardioGen 82.
16 However, based on the information found on the FDA
17 website, the active ingredient of the FDA approved drug,
18 CardioGen 82, is listed as Rubidium chloride RB-82. And
19 the dosage form or route is listed as an injectable.
20 While the name of the FDA approved drug is listed as
21 CardioGen 82, based on the information available, it is
22 our understanding that the FDA actually approved Rubidium
23 chloride, which is consistent with the Department's
24 position.

25 The actual CardioGen 82 is not a drug or

1 biologic. It is a device, and it cannot be injected into
2 the human body. This result is consistent with how the
3 Department has historically treated radionuclide
4 generators, like the CardioGen 82. For example,
5 annotation 425.0071 dealt with a similar radionuclide
6 generator that is at issue here.

7 Based on the information provided to the legal
8 Department at that time, that the backup letter to the
9 annotation was written, that taxpayer stated that the
10 generators are constructed on the principle of growth
11 relationship between a long-lived parent radionuclide and
12 its short-lived daughter radionuclide.

13 The parent is attached to an exchange column,
14 which decays to a short-lived daughter radionuclide. The
15 daughter then can chemically separate from the parent by
16 solvent that is pulled through the generator. In that
17 case, the parent was molybdenum-99 and the daughter was
18 technetium-99m. In this case, the parent radionuclide
19 would be the Strontium SR-82 or 85, at which decays to the
20 daughter RB-82, Rubidium 82.

21 The process -- going back to the backup letter to
22 the annotation, the process produces technetium-99
23 pertechnetate, a radio pharmaceutical injected into a
24 patient as agents in brain, thyroid, salivary gland, and
25 other imaging processes. The result from that letter is

1 Annotation 425.0071, which states, "While the products of
2 a generator may be classified as medicines under proper
3 conditions, the generators themselves are nothing more
4 than a piece of equipment and are not with the definition
5 of medicine for sales and use tax purposes." The
6 similarities with that backup letter and with the
7 CardioGen 82, basically, the processes are exactly the
8 same.

9 The generator is shipped with the Strontium SR-82
10 attached to the column, and that decays to RB-82, Rubidium
11 RB-82, which when that is eluted with the saline solution
12 produces Rubidium chloride. Chloride being the definition
13 of the solvent that comes through and attaches itself to
14 the daughter radionuclide.

15 And then as for Appellant's contention that the
16 CardioGen 82 qualifies as an exempt container, we heard
17 about that right at the end, and Appellant's Exhibit E he
18 attached a letter from the legal Department; we note that
19 Regulation 1589(b)(1)(D) exempts from tax, containers sold
20 or leased with the contents if the sales price of the
21 contents are not required to be included in the measure of
22 the sales tax or the use tax.

23 To be considered an exempt container, the
24 contents of the CardioGen 82 would have to be exempt from
25 tax. However, as previously stated, the contents of the

1 CardioGen 82 include Strontium SR-82 and Strontium SR-85,
2 neither of which are exempt from tax. While Rubidium
3 chloride is exempt from tax, that chemical compound does
4 not exist until an external saline solution is introduced
5 into the CardioGen 82. Therefore, the CardioGen 82 is not
6 sold or leased with contents that are exempt from tax and
7 cannot be characterized as an exempt container.

8 Based on the foregoing, CardioGen 82 is a medical
9 device that through an elution process generates the
10 exempt medicine Rubidium chloride. CardioGen 82 is not an
11 exempt medicine and does not contain or house an exempt
12 medicine.

13 And I think Scott is going to give some more
14 background on Regulation 1581(a)(9)(A).

15 MR. CLAREMON: Yeah. Thank you. This is Scott
16 Claremon. I just want to comment. Can everyone hear me?
17 I just want to make sure before I -- okay.

18 Just comment on a few of the responses that
19 Appellant has made. Firstly, as Mr. Bacchus pointed out,
20 you know, this is our understanding based on the
21 similarity with this Annotation 0071, and the information
22 that's been provided is that, you know, Strontium is a
23 radionuclide -- nucleotide that is -- that is a different
24 element than Rubidium 82, which is a different -- to
25 Judge Dang's question, it's a different -- it is a

1 different substance than Rubidium chloride.

2 So we disagree with the characterization of the
3 Appellant that this is the same as -- I think you used the
4 word titrating or diluting a medicine for injection into a
5 body. There are -- there's -- there is a different
6 chemical compound that's attached. It decays into a
7 different chemical compound. And then when it is eluted,
8 which is a specific type of chemical reaction, it creates
9 a third chemical compound, which is what is produced in
10 the body.

11 So we disagree with the characterization that
12 this is the same as any sort of IV medication. We also
13 note -- again, Mr. Bacchus noted the similarity between
14 essentially the exact same process which is described in
15 that annotation. Which is our longstanding position, and
16 the fact that there's always been IV medication at the
17 time -- like, throughout the time that annotation has been
18 in effect.

19 So it's always been our position that this not --
20 that because of these specific chemical processes that are
21 taking place with this type of generator, it's not the
22 same thing as your standard IV medication. To speak to
23 Regulation 1591(a)(9)(A), Appellant is correct that it was
24 specifically focused on cosmetic surgery, specifically,
25 breast implants and Botox.

1 The issue there wasn't a disagreement over
2 whether those things constitute a medicine or not. The
3 issue there was there was no disagreement that those did
4 constitute medicines -- word medicines -- when used for
5 certain purposes. When used post-mastectomy, a breast
6 implant is medicine. For certain uses, Botox is a
7 medicine. For other uses, they're not a medicine. For
8 other uses when they're used cosmetically, they're not.

9 So the issue there was how to deal with a product
10 that could be used as a medicine, but also is not a
11 medicine. And you could see from the structure of that
12 sentence, the key point is one, it says, "Except when" --
13 it starts with, "Except where taxable for all uses as
14 provided in(c)."

15 First, it's saying if something is never a
16 medicine, we're not going to deal with this. And the most
17 important point is the last part of the sentence which
18 says that it -- it says, "It is a medicine regardless of
19 ultimate use." So the main thing that (a)(9)(A) did in
20 Regulation 1591, was it said that if you have something
21 that is a medicine for some uses, we're going to consider
22 it a medicine regardless of ultimate use.

23 So it's not -- it was not designed to settle
24 disputes over what is or is not a medicine. Yes, it
25 contains -- in -- in coming up with that rule, it contains

1 statements about, you know, what's coming and medicine
2 that are -- that are approved by the USDA or FDA are --
3 are some of the things that are covered in that rule. But
4 it wasn't intended to change the analysis of what
5 constitutes a medicine, and it certainly would not change
6 the analysis here where you have something that is not
7 used as a substance or as an excluded device.

8 So we do not -- so we do not think that the
9 implementation of (a) (9) (A) of Regulation 1591 changes the
10 analysis of the annotation. Which, yes, it did precede
11 it, but that's been the longstanding analysis. And we
12 don't think regulation 1591(a) (9) (A) had any effect on
13 that analysis. Thank you.

14 JUDGE DANG: Thank you. This is Judge Dang
15 speaking.

16 Let me turn to my co-panelists at this time to
17 see if they have any questions. Judge Aldrich, do you
18 have any questions for CDTFA?

19 JUDGE ALDRICH: Hi this is Judge Aldrich. Can
20 you give me an example of what might be exempt of
21 1591(b) (6)? Is that something like, for example, a
22 diabetic insulin device? Would that be something that is
23 worn and infusing medicine?

24 MR. CLAREMON: Yeah. That's a specific example
25 like an insulin -- the type of insulin device that's worn

1 on the body would be considered exempt medicine under
2 (b) (6). As you can see from that definition it says it
3 has to be worn on or in the body. And, yeah, an insulin
4 injection device is an example.

5 JUDGE ALDRICH: Okay. And so, I mean, the crux
6 of the dispute here seems like -- and I'll give the both
7 of you the opportunity to correct me if I am wrong -- is
8 that the Department is drawing a distinction between
9 whatever comes out of the product, right, and is
10 ultimately injected versus the piece of equipment or
11 device or medicine, however you determine, that the saline
12 solution is being passed through, right. Whereas, the
13 Appellant is saying, no, the medicine is one and the same.
14 This device or medicine, depending how you analyze it, is
15 what should be tax exempt; is that correct?

16 MR. BACCHUS: Right. The Department's
17 understanding of Appellant's arguments is that the
18 radioactive -- the radionuclide, which is contained within
19 the generator, is the medicine. And it's just the saline
20 solution that elutes the radionuclide. It's just a way to
21 get that medicine into the human body.

22 And he uses the example of the IV. And as we
23 have pointed out in our presentation, Mr. Claremon in his
24 explanation is that the Department sees those things as
25 completely separate chemical compounds or chemical

1 reactions that the Strontium SR-82, which decays to the
2 Rubidium RB-82, those are not medicine.

3 The medicine is only created once the saline
4 solution passes through and harvests the RB-82 and creates
5 a third chemical compound, a third solution of the
6 Rubidium chloride. And it's the Rubidium chloride which
7 is injected and which is the medicine because it is
8 injected into the human body to diagnose a medical
9 condition.

10 JUDGE ALDRICH: So the Rubidium chloride, if I
11 were able to dry that out into a powder and put it into
12 some sort of a vile and that is then, like, reconstituted
13 later in that same exact chemical format, that might be a
14 medicine. But in this instance, because it's claimed --
15 it's changing its chemical structure through these
16 processes, it's not?

17 MR. BACCHUS: Again, it's hard for us to -- to
18 deal in hypothetical situations and to try to figure what
19 would and not be because there are various facts to
20 consider. But in this case, with these facts, because the
21 Rubidium chloride, which is final product, is not
22 contained within the generator, it is a process of
23 something that is created through the facts after the
24 saline solution has eluted the radionuclide. That is --
25 and that is the process, and it's not -- it's created

1 until after the fact.

2 And it's different having a medication in an IV
3 bag that is diluted with saline solution and is injected
4 into the human body. We believe it's a completely
5 separate and --

6 JUDGE DANG: Mr. Bacchus, I'm sorry to interrupt
7 you. Mr. Claremon, would you mind muting your mic,
8 please. We're getting quite a bit of feedback.

9 MR. CLAREMON: Oh, I'm sorry.

10 JUDGE DANG: Thank you.

11 I apologize, Mr. Bacchus. Please continue.

12 MR. BACCHUS: That's okay.

13 And just to repeat the -- we think it's the IV,
14 the dilution of a medicine for -- for IV purposes is
15 different -- a different process than what is taking place
16 with the CardioGen 82 where the saline solution is eluting
17 or harvesting a radionuclide and creating new chemical
18 compound which then can be injected into the human body.

19 JUDGE ALDRICH: I don't have any further
20 questions. I'm going to turn it back over to Judge Dang.

21 JUDGE DANG: This is Judge Dang. Judge Wong, did
22 you have any questions for CDTFA?

23 JUDGE WONG: This is Judge Wong. I had a couple
24 questions. First one, just for the record, to clarify the
25 record and make clear, what does elution mean?

1 MR. BACCHUS: Right. Elution, if you look on --
2 I think it's concluded in some of the Appellant's -- in
3 one of the Appellant's exhibits, also in the manufacturing
4 process. It's a process whereby a saline solution
5 removes a -- the saline solution removes an item -- in
6 this case a radionuclide -- removes a piece of it to
7 create -- and it creates an eluate, which is what it's
8 called, which is a liquid type of -- it's basically a
9 liquid.

10 It's, essentially, mostly saline solution with
11 some of that radioactive material and allows that material
12 to be safely injected into the human body without causing
13 too much radiation for the body.

14 JUDGE WONG: This is Judge Wong. One more
15 question. If you know, Strontium SR-82 or SR-85 used by
16 the FDA for anything?

17 MR. BACCHUS: Not to our knowledge.

18 MR. CLAREMON: This is Mr. Claremon. I believe
19 that from reading the materials in this case and the
20 backup letter to the annotation, I believe the issue is
21 that Strontium it's the -- it's the useful life of the
22 daughter makes it medically suitable, as opposed to the
23 longer life of the parent. So you wouldn't inject
24 something that last that long into the body. Whereas, the
25 daughter, since it decays faster is medically useful.

1 I think some of the materials that have been
2 provided in the safety materials, it does indicate that
3 there will be some Strontium that is in the solution, like
4 trace amounts. And that's the danger when, I believe, in
5 terms of balancing the elution reaction is making sure you
6 don't get excess Strontium. One of the dangers, at least,
7 appears to be that you don't get excess Strontium in that
8 Rubidium chloride solution. But I believe that the answer
9 is that it's the short-life of the daughter is what makes
10 it medically useful, as opposed to the long-life of the
11 parent.

12 JUDGE WONG: Got it. Thank you. And just to
13 clarify, the position is that saline solution is pumped
14 into the CardioGen 82 generator, a chemical process takes
15 place and out comes the RB-82 chloride injection; is that
16 correct?

17 MR. BACCHUS: Correct.

18 JUDGE WONG: Thank you. No further questions.

19 JUDGE DANG: This is Judge Dang. I believe a
20 follow-up on Judge Aldrich's earlier question; I believe a
21 second component of Appellant's argument was that if the
22 FDA approved CardioGen 82 as a drug that, it should be
23 exempt from tax. I'd like to get the Department's
24 response to that.

25 MR. BACCHUS: Sure. This is Chad Bacchus with

1 the Department. Our response is that the FDA did not
2 approve CardioGen 82 the device as a drug. It approved
3 Rubidium chloride as the drug but named it CardioGen 82
4 based on the name from the manufacturer. So, again, the
5 FDA list the active ingredient as Rubidium chloride RB-82,
6 which is consistent with the Department's position that
7 Rubidium chloride is an exempt medicine.

8 And -- and the FDA also states that the form or
9 the way to administer the FDA approved drug is through
10 injection. So the Department's position is that there's
11 no way that CardioGen 82 the generator is an FDA approved
12 drug as an injectable because it cannot be injected. We
13 believe -- the Department believes that it was -- that the
14 naming -- the FDA naming the drug CardioGen 82 is causing
15 unnecessary confusion.

16 That it's -- that you should -- we should
17 actually be looking at what -- what it actually is that's
18 being approved, which is the Rubidium chloride RB-82, as
19 specified in the FDA materials.

20 JUDGE DANG: Thank you. Let's assume for the
21 moment that the FDA did approve this product, the
22 generator CardioGen 82 as a drug or that the product meets
23 the definition in the Food and Drug in a cosmetic act as a
24 drug, what would be the Department's position be there?
25 Would it still be taxable, or would it be exempt in that

1 case?

2 MR. BACCHUS: Again, it's difficult for the
3 Department to engage in hypothetical questions just
4 because we deal with facts that are in front of us.
5 Again, as Mr. Claremon pointed out with the 1591(a)(9)(A)
6 came about, it didn't change the way we look at what
7 products or items qualify as medicine. It's products that
8 did qualify as medicines for certain circumstances. It
9 then expanded to allow those products to be characterized
10 as medicines no matter what the ultimate use was.

11 So the Department would look at whether for sale
12 and use tax purposes under the sales and use tax law, the
13 Department would look at whether the item qualified as a
14 medicine in general. And then -- and then if it did, then
15 1591(a)(9)(A) would then expand that. And no matter what
16 the use was, it would then be allowed -- then we would
17 find that it was a medicine under 1591(a)(9)(A).

18 MR. CLAREMON: And this is Mr. Claremon. I would
19 add sort of in response to that question. I mean, this is
20 a unique -- I -- we -- as we've said. This is somewhat of
21 a unique situation, and it is -- there is a little
22 confusion with how the FDA approval is labeled.

23 If -- but I think generally, if something is
24 approved -- something is a drug and is approved as a drug
25 in which -- which is substance of preparation which is

1 approved as a drug, there's not going to be a lot of room
2 between it being a medicine under 1591(b)(1) one drug or
3 preparation -- excuse me -- 1591(a)(9)(B), a drug or
4 preparation -- of substance preparation that is used to
5 diagnose and something that's also a medicine under
6 (a)(9)(A).

7 So I mean, generally the answer is going to be if
8 it was truly a substance for preparation that was approved
9 by the FDA for -- as a medicine, we would consider it to
10 be a medicine too. But I don't think there's generally
11 going to be a lot of room between those two definitions.
12 There's this kind of unique facts of this case where you
13 have, what we think is some -- is somewhat confusing,
14 somewhat imprecise labeling here because as Mr. Bacchus
15 said, the facts are that this product is not Rubidium
16 chloride.

17 So the FDA has approved it and has given it the
18 name CardioGen 82 and said that the active ingredient is
19 Rubidium chloride, but the facts and as all the evidence,
20 shows, that when this is sold it does not contain Rubidium
21 chloride, and it does not produce Rubidium chloride until
22 the elution solvent is put through it.

23 So we think -- in response to your question, we
24 think the answer will be generally be that something
25 that's approved as drug by the FDA will also be a drug

1 under our law. This is a unique situation with these
2 facts.

3 JUDGE DANG: Thank you, Mr. Claremon. I think my
4 concern was I took a quick peak at the Food, Drug, and
5 Cosmetic Act. It seems as though the definition of what
6 the FDA may consider to be a drug is far more expansive
7 than what we would typically use here with regards to
8 sales and use tax law.

9 If that were the case, and CDTFA were to lose on
10 this factual issue, we need to proceed, I think, to the
11 legal question of whether or not FDA's determination of
12 whether TPP is a drug or not, would controlling in this
13 case. Do you have a response for that?

14 I'm sorry, Mr. Claremon. I'm sorry. You're
15 still muted.

16 MR. CLAREMON: I'm sorry. Can you repeat that
17 question again so I can make sure I heard it correctly?

18 JUDGE DANG: Yes. This is Judge Dang again. My
19 question was that if CDTFA, as you've expressed the
20 labeling is pretty confusing in this case, and my concern
21 is that if CDTFA were to lose on the factual issue here
22 and we were to find that the FDA approved the entire
23 device as a drug, based on my quick look at the Food,
24 Drug, and Cosmetic Act, it seems like the FDA uses a much
25 broader definition of drug than would typically applied

1 under the sales and use tax law; and I'm wondering, if
2 that were the case and we were to find that CardioGen 82
3 was an FDA approved drug, the entire device, is the FDA's
4 determination of what's a drug in this case, would that be
5 controlling as to the tax ability of this product?

6 MR. CLAREMON: You know, I'm not sure I can, you
7 know, give a broad answer as to how this regulation should
8 be, you know, hypothetical in response, how this
9 regulation should be interpreted. Certainly 1591(a)(9)(A)
10 does state that something -- a drug approved by the FDA as
11 such is medicine. So I don't know how broadly
12 that would -- as I said, I don't think there is much room
13 between something that is considered a drug or a biologic
14 under the FDA law versus what we would consider to be
15 substance of preparation that is a medicine.

16 So I don't know how broadly that would affect
17 other items. But our indication is that -- but certainly,
18 the regulation states that a drug that's approved by the
19 FDA is a medicine. I mean, that -- that's what the
20 definition states. Our understanding here is that the
21 drug here is Rubidium chloride.

22 JUDGE DANG: Thank you. This is Judge Dang
23 speaking again.

24 At this time I'd like to turn it over to
25 Mr. Bholat for your rebuttal. You'll have five minutes if

1 you're ready to begin.

2 MR. BHOLAT: Yes. Thank you. This is Jacob
3 Bholat again.

4

5 REBUTTAL STATEMENT***

6 MR. BHOLAT: First I'd like to point you to the
7 closing. I wanted to address a few of the issues that the
8 Department brought up, if I may. The number one issue I
9 keep hearing of, and the Department has never failed to
10 answer this because they're trying to play the expert and
11 outsmart the FDA. They claim that the device generates,
12 creates, harvests, whatever term they want to use.

13 When you look at the specific language within the
14 FDA approval, and in our Exhibit B that is the actual
15 label. That's not an imprecise labeling as it was laid
16 out. That is specific approved FDA labeling of this
17 device. And in this -- this elaborate discussion that
18 they go through, there's only one term that's, used, and
19 that is elute. And that's very important because all
20 elution is, is it means that it is being absorbed by a
21 solvent. There's no chemical reaction as has been stated.

22 The Department has failed consistently to provide
23 us with any type of an example what chemical process, what
24 mechanical process, what physical process, what any
25 process that the device uses to convert one item to

1 another. All that's simply happening is the saline is
2 injected inside the container. It is eluted or absorbed.
3 So, basically, what happens is the saline goes in, it
4 elutes it, and it absorbs it and then it injects it.

5 The manufacture product SR-82 is a longer life
6 product, but it's essentially the same thing. The RB-82
7 is a broken down shorter-life radioactive chemical that is
8 for the delivery. Under the Department's logic of saying
9 well, adding saline creates a chemical reaction and,
10 therefore, makes the drug taxable. Then in our
11 illustration of exhibit, when the primary, which is the
12 saline, the secondary which is the medicine, when they
13 meet, they again in that situation, they're diluting the
14 drug.

15 Why? Because the drug is too -- the drug in the
16 bag is too dangerous to deliver to the patient. If they
17 injected that drug directly to the patient, that patient's
18 body would react to it negatively, and it would do more
19 harm than good. Same scenario here. There is no
20 difference. The only difference is that the container is
21 built so that the product is more stable.

22 A simple bag would not keep the drug stable. It
23 would not keep it safe. It would not be able to -- they
24 would not be able to transport it. They would not be able
25 to use it. It would not be able to have as needed basis.

1 If they were manufacturer RB-82 and sell it as is, they'd
2 have to use it within a few minutes. Well, how are they
3 going to get it from point A to point B ready for patient
4 use? The Department continues to use the term generate,
5 harvest, or create, but it fails to provide any logic or
6 any basis or any facts of what the container does other
7 than absorbing the medicine through saline.

8 The other thing that I want to talk about is
9 425.0071. That ruling and decision is highly flawed. All
10 it does is it takes a look at the previous ruling from
11 1977, that says, hey, that 1977 ruling said this product
12 was taxable. Therefore, we're going to say this product
13 is taxable. It doesn't do anything else. You can read
14 the background, and I've read it myself.

15 What it fails to realize is that those two
16 products are completely differently. In the 1977 ruling,
17 those radioactive material had half-lives of decades.
18 They're manufactured in a process, or they wouldn't be
19 around for years. The hospital or the medical
20 professional wouldn't be able to use that product for a
21 very long period of time. Here we have a product that's
22 good for roughly five weeks. We're not talking about
23 years. We're talking about weeks, and that's at our
24 maximum.

25 The reason why they manufacture it that way is

1 because that's the only way they can get it from point A
2 to point B, to the patient. So we are trying to equate an
3 instrument, an equipment, a sunlamp, and X-ray machine to
4 a medicine. And that's a flawed regulation or ruling --
5 sorry. And it fails to take anything into consideration
6 when it does that analysis. All it does is say those 1977
7 rulings that say this product was taxable, therefore, that
8 product is taxable. There's no other analysis done.

9 The next thing I would like to address,
10 1591(a)(9)(A) is -- specifically says the FDA is the
11 primary place where you should go and look for answers.
12 We as a Department are not experts. I'm not an expert.
13 The FDA is. So the language in (a)(9)(A) is very
14 specific. It says if the FDA classifies something as a
15 drug, it should be treated as a drug.

16 Mr. Claremon said that cosmetics are taxable.
17 That is not true. A cosmetic implant is exempt, and it
18 remains exempt after (a)(9)(A). The reason why is because
19 the Department does not want to be able -- does not want
20 to have the need to look at patient records. They're not
21 allowed to. So there was a broad application for all
22 implants, whether it was cosmetic or not. They were
23 deemed to be exempt. And there was no further analysis
24 done.

25 Finally, I think that I wanted to talk about all

1 this -- this whole concept of a third product. I don't --
2 I'm sure where this came from. The Department is saying
3 that the saline mixed with an RB-82 is now a third
4 product. That -- that to me makes no logical sense.
5 There's no basis or fact to that. So that's kind of the
6 response that I have.

7 As far as any conclusion remarks, again, I want
8 to say that the Department has narrowed their vision to
9 only look at the rulings that should not be used for this
10 drug. A huge question that they've failed to answer is
11 what proof or fact makes them decide RB-82 is an
12 instrument -- or CardioGen is an instrument and not a
13 drug.

14 The logic of this panel should use as follows:
15 The FDA classifies it as a drug. The FDA provides the
16 active ingredient within this the drug. They don't
17 provide any distinction. In their Orange Book, they're
18 saying it's a drug. They're saying the propriety name is
19 CardioGen 82. The active ingredient is Rubidium chloride.
20 Their publication and their labeling, which goes through a
21 very significant process of approval, specifically says
22 that it's eluted, which means absorbed.

23 The active ingredient is mixed with the saline
24 after elution and injected. There's no conversion of any
25 type within the container. There's no physical, no

1 chemical, no mix, or any other process. It simply goes
2 in, absorbs the medicine, leaves the container, goes into
3 the patient. Nothing else happens.

4 The Department cited rulings that relate the
5 products to a long-term reusable item for decades. I
6 mean, we're talking these products have a half-life of --
7 in the 1977 ruling, specifically talks about half-lives of
8 28 and I think it was 35 years. This one through RB-82
9 have a half-life of 42 days maximum within the container.

10 The Department cited rulings that analyzed the
11 products. They use some type of mechanical, physical,
12 chemical process to create a new substance. We're not
13 creating a new substance. All we're doing is taking a
14 product manufactured by the manufacturer, good for 6 to 7
15 weeks. We're titrating it down to a deliverable dosage.
16 RB-82 is made at the facility -- the manufacturer's
17 facility, packaged into a container, and then it is
18 eluted, titrated, and delivered into the patient via a
19 saline injection.

20 This product is exactly as a bag of exempt
21 medicine modified only for safe handling, delivery, and
22 processing of a dangerous drug. This tunnel vision has
23 led the Department to making a mistaken decision back in
24 1977 and again, they're trying to do it today. This is a
25 very unique product. This is a very unique situation.

1 One of the questions that the panel brought up is does --
2 if the FDA -- if we were to take the broad FDA definition,
3 would that change it?

4 I don't think we need to go there. Because the
5 FDA classifies this as a drug. They're classifying the
6 amount of the material inside the container as a drug.
7 The outside of it is all container, and everything else is
8 all of the delivery.

9 In the Exhibit E that we provided, we provided a
10 ruling back from 2012 which dealt with the delivery of a
11 stent. And in that stent there's a delivery system;
12 catheter, it's preloaded, it's ready to go. All the
13 doctor has to do is take that device, place it into the
14 body, release -- pump up the stent so that it's ready for
15 delivery. Then once it's placed, they remove the device,
16 and they toss the device. Well, that device is not
17 taxable because a stent is exempt, implanted.

18 That device is deemed to be a container even
19 though it does much more than a container. It actually
20 process of the delivery. So I don't think we need to go
21 to the point of saying, well, if the FDA approves it as a
22 drug, we have to look at it as drug. If the FDA approved
23 the item within the CardioGen container as a drug, then
24 that container, that whole device should be exempt.

25 Thank you for your time and consideration.

1 That's kind of the end of my rant. I apologize.

2 JUDGE DANG: Thank you, Mr. Bholat. This is
3 Judge Dang speaking.

4 Before we conclude, I'd like to ask my panel
5 members one last time whether they have any further
6 questions, starting with Judge Aldrich.

7 JUDGE ALDRICH: No further questions. Thank you.

8 JUDGE DANG: Thank you. Judge Wong?

9 JUDGE WONG: This is Judge Wong. I did have one
10 last question for Mr. Bholat. In your Exhibit F there's,
11 like, a sheet about the CardioGen 82. You had mentioned
12 that Rubidium RB-82 is not generated. But it seems to say
13 on the top of that CardioGen 82 -- and underneath it, it
14 says Rubidium RB-82 generate. Can you address that?

15 Mr. Bholat, I think your muted or I can't hear
16 you.

17 MR. BHOLAT: Sorry. I was on mute, and I was
18 talking.

19 To answer your question, the product is marketed
20 as a process where they generate, and they use the term
21 generate. And when you look at the actual process of what
22 it does and how it explains it, all it's saying is that
23 it's an absorption process. It's not any type of a
24 generating manufacturing process. There is not internal
25 component that happens. There is no chemical reaction

1 that happens between the saline and the device or the
2 medicine. All it is absorbing it.

3 And if you read through the FDA labeling, the
4 entire process talks about elution. And I fall back to
5 that term because that term -- and it's defined in one of
6 our exhibits. It's defined as absorbed by a saline -- by
7 a solvent. The solvent here is safe. So there's no
8 chemical process. There's no conversion. There's just
9 simply an absorption. And so that's the process, but the
10 term generator is there. That is, I think, what's causing
11 the Department to misinterpret the application of this
12 process.

13 JUDGE WONG: Thank you. No further questions.

14 JUDGE DANG: This is Judge Dang. I'd like to
15 thank everyone for their presentations. The record is
16 closed, and this matter will be submitted for decision.
17 The panel will meet and deliberate on the arguments and
18 evidence that's been presented to us. And we will
19 endeavor to send you our written opinion within 100 days
20 from today. I'd like to thank everyone once again. This
21 hearing is now adjourned.

22 (Proceedings adjourned at 11:24 a.m.)

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

HEARING REPORTER'S CERTIFICATE

I, Ernalyne M. Alonzo, Hearing Reporter in and for the State of California, do hereby certify:

That the foregoing transcript of proceedings was taken before me at the time and place set forth, that the testimony and proceedings were reported stenographically by me and later transcribed by computer-aided transcription under my direction and supervision, that the foregoing is a true record of the testimony and proceedings taken at that time.

I further certify that I am in no way interested in the outcome of said action.

I have hereunto subscribed my name this 18 day of September, 2020.

ERNALYN M. ALONZO
HEARING REPORTER